

16 (JAMES GAVIN, M.D., PLAINTIFF witness, having been duly sworn,  
17 testified as follows:)

18 DIRECT EXAMINATION

19 BY MR. GRIFFIN:

20 Q. Good morning, Dr. Gavin. Would you please introduce  
21 yourself to the jury?

22 A. I'm Dr. James R. Gavin, III, and I'm CEO and chief medical  
23 officer for a disease management company called Healing Our  
24 Village, and clinical professor of medicine at Emory and Indiana  
25 University Schools of Medicine.

1 Q. Dr. Gavin, would you tell the jury a little bit about  
2 yourself, where you were born and raised, and your educational  
3 background?

4 A. I was born and raised in Mobile, Alabama. I left Mobile  
5 when I was 16, going to college at Livingstone College in  
6 Salisbury, North Carolina. I actually went to college to  
7 initially be a Methodist preacher, but that's a longer story  
8 than you have time for. And after college I went to graduate  
9 school at Emory University in Atlanta, and finished a Ph.D. in  
10 biochemistry.

11 And after a few years of fellowship at the NIH here in  
12 Bethesda, I went back to medical school and received my medical  
13 degree at Duke University, and then did my clinical training at  
14 Washington University, Barnes Hospital in St. Louis.

15 Q. Do you have family, sir?

16 A. I do. I have a wife of some 38 years, and three adult sons,  
17 and unfortunately only one granddaughter.

18 Q. All right. I would like, Dr. Gavin, if you would, to go  
19 over --

20 MR. GRIFFIN: Well, let me offer at this point  
21 Plaintiff's Exhibit 28, Dr. Gavin's CV, to which the government  
22 said there's no objection.

23 THE COURT: 28 will be received.

24 (PLAINTIFF'S Exhibit Number 28 was moved into  
25 evidence.)

1 BY MR. GRIFFIN:

2 Q. Dr. Gavin, let's go over first -- you just shared with the  
3 jury your educational experience. Is that right?

4 A. Yes.

5 Q. Okay. I would like you to share with the jury the positions  
6 and the work that you have had, say, the first, we don't need to  
7 go over the whole thing, but maybe the first 10 or so, the most  
8 recent, your positions of what you served.

9 First, I'll ask you, what is Healing Our Village, Inc.?  
10 What is that?

11 A. We are a disease management company that works with health  
12 plans and hospital practice groups, working with their highest  
13 risk patients to really deal with those people who are having  
14 the most difficult time getting to goals with diabetes,  
15 primarily. And we also have some few patients with whom we work  
16 who have asthma. So we work with health plans to deal with the  
17 folks who are really in the deepest trouble with their diabetes  
18 management.

19 Q. All right. Now, are you currently a clinical professor of  
20 medicine at the Indiana University School of Medicine?

21 A. Yes, I am. I have a clinical faculty appointment there.  
22 And I do teaching there, and I also run a fellowship program out  
23 of Indiana University School of Medicine.

24 Q. What kind of fellowship?

25 A. This is a fellowship program that has been continuously

1 funded for the last 26 years by the Robert Wood Johnson  
2 Foundation, originally for underrepresented minorities who were  
3 interested in pursuing academic medical careers in a variety of  
4 disciplines, which include endocrinology and diabetes.

5 Q. What have you done the first three days of this week, before  
6 you came in late last night?

7 A. I was teaching a course for fellows in diabetes and  
8 endocrinology in Indiana. So I came here from my Indiana  
9 office.

10 Q. All right. And are you also a professor at Emory University  
11 in Atlanta?

12 A. I have a faculty appointment, clinical faculty appointment  
13 at Emory, which my base. Atlanta is my base, and I do teaching  
14 of residents and some medical student teaching. And then I  
15 serve as an advisor on health affairs to both the chancellor and  
16 the president at Emory.

17 Q. Before you -- well, let me ask you this: Your resumé - what  
18 I call a resumé, doctors call CVs - but the resumé reflects that  
19 you were president for several years of the Morehouse School of  
20 Medicine in Atlanta.

21 Please tell the jury, if you would, what Morehouse  
22 School of Medicine is.

23 A. Morehouse School of Medicine is one of what were formally  
24 four, now there are three, since the Charles Drew Medical School  
25 essentially went out of operation for a while, but

1 underrepresented or historically black medical schools, along  
2 with Howard and Maharry. And it trains mostly primary care  
3 providers to serve the medically underserved.

4 And it's been around in Atlanta since 1971, one of the  
5 few medical schools that actually had its origins in a college,  
6 not a university.

7 Q. Dr. Gavin, I want to skip down to where it says, "Director,  
8 HHMI/NIH research scholars program."

9 What's that about?

10 A. For 11 years I worked here at the headquarters of the  
11 Howard Hughes Medical Institute, which is headquartered in Chevy  
12 Chase, Maryland. Howard Hughes shares programs with the NIH,  
13 which is basically right down the street. One of the programs  
14 that they share has medical students who come for a year. They  
15 live on the campus at the NIH for a year, working in various  
16 research laboratories. That's a Howard Hughes-sponsored  
17 program, jointly with the NIH, and I was the director of that  
18 program.

19 Q. Now, I'm not going to ask you about any of these other  
20 positions, but if the jury wants to look at them later.

21 Do all of those reflect your previous positions which  
22 you have held in your long career in diabetes?

23 A. Yes, they do.

24 Q. All right.

25 MR. GRIFFIN: Let's go to the bottom, if we could,

1 Garin.

2 BY MR. GRIFFIN:

3 Q. And I'll ask you about your military service to your  
4 country. Describe for us, if you will, about that.

5 A. Well, I was a lieutenant commander, served my active duty at  
6 the NIH during the Vietnam era, and then became a commander.  
7 And then I've been actually in the inactive reserve. They  
8 called that at one time the ready reserve. So I think I'm now  
9 too old for them to deploy, but I was always ready.

10 Q. Thank you for that. Now, I want to go to the issue of  
11 licensure and ask if you are licensed to practice medicine?

12 A. I am licensed to practice medicine, yeah.

13 Q. And are you a diplomate of the National Board of Medical  
14 Examiners?

15 A. I am, sir.

16 Q. What does that mean?

17 A. It simply means that you've passed a series of the  
18 qualifying examinations which show evidence of your preparation  
19 to enter the field of medicine.

20 Q. If we could turn to the next page, that contains the  
21 licensure and awards and honors, and look at the first five or  
22 ten awards and honors. And we're not going to talk about all  
23 these because we're short of time, but I want to ask about a few  
24 of those, if I might.

25 I would like for you to share with us, I noticed third

1 to the bottom there on the screen is the Banting Medal For  
2 Distinguished Service, American Diabetes Association. First of  
3 all, what is the American Diabetes Association?

4 A. Well, the American Diabetes Association is the largest  
5 professional and voluntary health society for diabetes in this  
6 country, probably in the world. And it works to really seek  
7 better ways of treating, better ways of promoting public  
8 awareness, and better ways of pursuing cures for diabetes.

9 And the Banting Medal is given to the outgoing  
10 president of the American Diabetes Association, because  
11 generally that person will have had a long history of  
12 voluntarism which culminates in having spent a four-year stint  
13 in the presidential track. There's vice president,  
14 president-elect, president, and then past president.

15 Q. Is the president of the American Diabetes also always a  
16 physician, at least the president for medicine?

17 A. President for medicine is always a physician. That has been  
18 historically the way this has operated.

19 Q. Does the American Diabetes Association publish the most  
20 scientifically prestigious journals in terms of the care of  
21 diabetes and helping physicians?

22 A. There's what's called a rating index for the impact of  
23 medical journals. That means these are the journals that  
24 scientists and medical care professionals view as the most  
25 credible. The American Diabetes Association publishes the

1 highest index of journals in the field of diabetes in the world.

2 Q. Are they peer-reviewed?

3 A. They're all peer-reviewed.

4 Q. And does the American Diabetes Association fund research for  
5 diabetes?

6 A. The American Diabetes Association has a very active research  
7 funding program. In fact, a number of years ago, it formed the  
8 American Diabetes Association Research Foundation, which is  
9 specifically geared to do nothing but raise funds for research.

10 Q. All right. And let me just go to the last one. You were  
11 named internist of the year by the National Medical Association  
12 in 1997. What is that?

13 A. Well, the National Medical Association is an organization of  
14 minority physicians in this country. For many years, of course,  
15 minority physicians could not be admitted into the American  
16 Medical Association, so in 1895 the National Medical Association  
17 was formed to provide a professional home, if you will, for  
18 African-American physicians. It is still in existence, and  
19 still operational.

20 Each year the NMA, as we call it, gives an award for a  
21 person who is elected by his or her peers as being an exemplary  
22 practitioner in their field. And I was honored to receive that  
23 award in 1997 as the internist of the year, and I was quite  
24 proud of that.

25 Q. Thank you. Dr. Gavin, if you don't mind moving -- if we



1       could move to the next page under "Editorial positions," if we  
2       could, up near the top, I'll ask you, have you served on  
3       boards -- or I should say, have you served in an editorial  
4       capacity for journals in our country that have to do with  
5       medicine and diabetes?

6       A. Yes, I have, a number of them. Some of them are shown right  
7       here on this little screen.

8       Q. I want to go on the one you're on right now, the most recent  
9       in 2005, to the present. And I'll ask you, when it says,  
10      "Editorial board member for Insulin," what does that mean?

11      A. Well, Insulin actually is a specialty journal which actually  
12      focuses on issues related to the care and cure of diabetes,  
13      where insulin, the hormone, is the principal focus. So it  
14      will -- that journal will cover articles that really go all the  
15      way from research to patient care.

16      Q. Practical advice for physicians?

17      A. Absolutely.

18      Q. Okay. Let's move to the next section. I'm not going to ask  
19      you any questions about it, but I'll ask if your CV contains the  
20      many advisory boards and committees where you have served as a  
21      physician?

22      A. Yes, it does contain that list. And looking at it makes me  
23      tired.

24                   MR. GRIFFIN: Go to the next page, will you, Garin?

25      BY MR. GRIFFIN:

1 Q. And the next page is more of those. Is that right?

2 A. Yes.

3 Q. All right. Moving to the next section in your CV, the  
4 awards and honors that have been bestowed upon you, let's just  
5 look at, say, for example -- let's just take a few of those,  
6 look at the first few of them. There are so many. Let me just  
7 see if I can cut this short, everything from distinguished  
8 alumnus in Washington to distinguished alumnus from Emory, all  
9 of these. Does this section, the other awards and honors --

10 MR. GRIFFIN: Garin, if you would put the whole thing,  
11 at least, on that first page.

12 BY MR. GRIFFIN:

13 Q. Does this list that we see before us list awards and honors  
14 that have been bestowed upon you by others?

15 A. That is correct. And mostly for the work that I've done in  
16 the area of diabetes, I should add.

17 Q. Okay. All right.

18 THE COURT: That's enough, isn't it, Mr. Griffin?

19 MR. GRIFFIN: I think so. I was thinking the same  
20 thing, Your Honor.

21 BY MR. GRIFFIN:

22 Q. Let's move, then, to the section of teaching.

23 THE COURT: No, I mean that's enough of the  
24 qualifications, isn't it?

25 MR. GRIFFIN: Your Honor, I would move at this time

1 that Dr. Gavin be qualified as an expert.

2 THE COURT: In the field of?

3 MR. GRIFFIN: Diabetes.

4 MR. GARDNER: We continue our objection, Your Honor.

5 THE COURT: To his qualifications?

6 MR. GARDNER: Correct.

7 THE COURT: Do you want to voir dire him?

8 MR. GARDNER: No. I haven't heard anything about the  
9 facts or data he relied upon; therefore, I haven't heard a basis  
10 for the admissibility of his opinions.

11 THE COURT: The first step is to qualify him as an  
12 expert in the field of diabetes, to render opinion testimony in  
13 that area. And he is qualified to render opinion testimony, and  
14 the objection is overruled.

15 MR. GRIFFIN: Thank you, Your Honor.

16 BY MR. GRIFFIN:

17 Q. Now, I want to ask you about the foundation of your opinions  
18 in this case. I would like for you to share with the jury, if  
19 you would, the types of patients you have assisted in the many  
20 years that you have been a person with expertise in diabetes.

21 A. Over the years of my medical career I have assisted, and  
22 that is to say, been responsible for the direct management of,  
23 have been responsible as a consultant for, or had otherwise  
24 interactions with a full spectrum of patients; patients with  
25 Type I diabetes, patients with Type II diabetes, who have

1 actually spanned the age ranges, all the way from age 14 to 84.  
2 People who have been involved in all walks of life, from  
3 students to people who have been workers, construction workers  
4 for skyscrapers, or people who have worked as cattlemen and  
5 ranchers. And consulted with patients who have been mountain  
6 climbers, or people who have done exotic kinds of things of that  
7 nature; high-risk patients, patients who have really been really  
8 challenged to achieve better control of their diabetes.

9           And so I've done that in a variety of settings and in a  
10 variety of ways over the last 35 years.

11 Q. Have you assisted patients like that in the numbers of  
12 hundreds and thousands over your career?

13 A. Certainly I would say in the thousands. By now that  
14 certainly has crossed that threshold.

15 Q. Do you regularly discuss with those who you are assisting  
16 their day-to-day activities and their job functions in  
17 connection with their diabetes?

18 A. Well, certainly. And that has always been an element of  
19 care. And even now, in the work that we do with Healing Our  
20 Village, with our company, where the patients who are referred  
21 to our company who are really having a difficult time getting to  
22 their diabetes targets, which is why the health plans send them  
23 to us in the first place. And in fact, we do work over at  
24 Chartered Family Health Clinic here in Washington.

25           It's important to know, what are obstacles that might

1 interfere with those patients getting to those treatment goals,  
2 and so we have to talk to them about what their day-to-day  
3 issues are in their jobs, in their homes, and our wellness  
4 coaches in fact are instructed to gather that information. And  
5 much of that information is conveyed to me if it's thought to be  
6 relevant to improving their health outcomes.

7 Q. Is it fair to say, Dr. Gavin, that your patients range in  
8 terms of their jobs and daily activities, those who are  
9 sedentary to those who have the most arduous and strenuous jobs?

10 MR. GARDNER: Objection. Leading.

11 THE COURT: It is leading.

12 MR. GRIFFIN: Let me rephrase the question.

13 BY MR. GRIFFIN:

14 Q. Give the jury a flavor of the types of patients you have  
15 helped, in terms of the relative sedentariness or activeness of  
16 their jobs.

17 A. Well, I would simply say that the range of physical activity  
18 that -- job-related physical activity that patients that I have  
19 been directly involved with or consulted upon, those levels of  
20 activity have run the gamut. They've gone all the way from are  
21 very sedentary, people who did very little on a day in/day out  
22 basis --

23 THE COURT: Like judges?

24 THE WITNESS: I think judges have a very strenuous  
25 level --

1 THE COURT: Maybe. But sedentary.

2 MR. GRIFFIN: At least this week.

3 A. To people who literally are working 14-hour days under  
4 conditions where they're doing really heavy lifting, very  
5 stressful kinds of activities; to people, as I mentioned, the  
6 group that I consulted with in Portugal as part of our  
7 international activities, that had climbed many of the highest  
8 peaks in the world, including Mount Kilimanjaro. And that gets  
9 to be pretty heavy duty.

10 Q. And were you able to assist them in determining whether  
11 their diabetes would interfere with any of those arduous tasks?

12 A. Well, this has always been part of the topic of whatever the  
13 discussions and interactions have been; that is, to basically  
14 talk about what they needed to do, or what were they doing,  
15 sometimes just to affirm that they were doing the right things,  
16 to make sure that diabetes did not serve as an impediment to  
17 doing what they were doing in a fashion that was going to be  
18 safe and healthy for them.

19 Q. And were they able to attain those goals?

20 A. Well --

21 Q. As mountain climbers?

22 A. I would say, Mr. Griffin, that as a matter of, course in the  
23 overwhelming majority of cases, okay, and this is across the  
24 spectrum of all those kinds of patients that I've just been  
25 alluding to, in those instances where they have been willing to

1 make the kinds of sacrifices, to do the things that they were  
2 taught and instructed and assisted in doing to achieve control  
3 of their diabetes, when they've been willing to make that kind  
4 of sacrifice and do that work, they have actually performed in  
5 outstanding fashions, and to accomplish goals that they've set  
6 for themselves, in addition to doing what they've been expected  
7 to do on their jobs.

8 THE COURT: Mr. Griffin, would you proceed to the  
9 opinion in this case, please?

10 BY MR. GRIFFIN:

11 Q. Dr. Gavin, will you share with the jury what you were asked  
12 to do in this case?

13 A. Well, I was asked to come in the case of Jeff Kapche, to  
14 comment on two things; one, did he have a disability under the  
15 terms that had been set forth defining disability; and two, was  
16 he qualified to do the job as an FBI agent.

17 Q. And were you -- did you have an opportunity to review a  
18 large amount of paperwork from the FBI as to his rejection by  
19 the FBI and the documentation of the FBI's witnesses and other  
20 documents relating to the FBI's branding him as disqualified?

21 A. I reviewed a number of documents, which included documents  
22 related to his examinations, documents related to depositions  
23 that were taken from one or another person, and then memos  
24 related to why he was felt to be not qualified.

25 Q. Did you rely on the FBI's own examining board-certified

1 internal medicine doctor, who declared him qualified for  
2 worldwide duty?

3 MR. GARDNER: Objection. Leading.

4 THE COURT: Sustained.

5 BY MR. GRIFFIN:

6 Q. What did you rely on in terms of documentation that the FBI  
7 had prepared in connection with its decision to reject him as an  
8 agent?

9 A. Well, since I was asked to comment on the issue of whether  
10 or not he was qualified to be an agent, and I assume that to be  
11 medically qualified, I had to depend on the input from the  
12 examining physician. And in this case I relied on the fairly  
13 extensive physical examination and historical record that had  
14 been obtained by the examining physician on behalf of the FBI.

15 Q. And are you able, because of your education and experience  
16 and expertise, to assist the jury in sharing your opinion as to  
17 whether or not Jeff was qualified for the job?

18 A. I think I'm comfortable in saying that I think I agree with  
19 the FBI's examining physician in that regard, that he appears to  
20 have been fully qualified to assume the responsibilities that  
21 they were vetting him for.

22 Q. Do you have an opinion on whether he was trained by his  
23 education and experience for the job?

24 THE COURT: I don't think he's got any expertise about  
25 that.



1 BY MR. GRIFFIN:

2 Q. Let me just ask you this --

3 THE COURT: He's been medically qualified. I think  
4 that's the limits of his expertise.

5 BY MR. GRIFFIN:

6 Q. But in any event, medically, you felt that he was qualified?

7 A. I had to agree with the examining physician in that case.

8 Q. Dr. Gavin, in terms of the expertise of people, the jury has  
9 heard that Dr. Burpeau was a board-certified internal medicine  
10 physician. Would you please share with the jury what a  
11 board-certified internal medicine physician is?

12 THE COURT: Irrelevant. Move on. We've been over that  
13 many times.

14 BY MR. GRIFFIN:

15 Q. Let's turn, then -- let me just ask you, if you would,  
16 there's some terms that we have been talking about during the  
17 trial, and I'm going to -- can you see those okay, Dr. Gavin?

18 A. Yes, I can see them fine.

19 Q. We've prepared an aid for the jury, a demonstrative aid.  
20 And I would ask if we non-doctors have done a good job of  
21 putting definitions for some of the many terms that have gone  
22 around in this case.

23 A. This appears to have been put together quite nicely. There  
24 are a couple of things that you would need to add. For example,  
25 next to DKA, for diabetic ketoacidosis, where you have

1 "Treatment: Insulin," you really have to say "Treatment:  
2 Insulin plus fluids." The dehydration that you get in diabetic  
3 ketoacidosis is one of the most dangerous consequences of that  
4 condition.

5 Q. Sure.

6 A. But other than what, the rest of this is pretty much okay.

7 MR. GRIFFIN: Your Honor, may we publish this to the  
8 jury?

9 THE COURT: "Pretty much okay"?

10 THE WITNESS: It's accurate. I'm sorry.

11 THE COURT: Yes, you can publish it.

12 MR. GRIFFIN: Thank you.

13 BY MR. GRIFFIN:

14 Q. Now, without going through a whole lot of talk with you, let  
15 me just ask you, is anything in the history that you had for  
16 Jeff Kapche, that he ever has had any of the problems with the  
17 bottom three issues that sometimes confront people with  
18 diabetes?

19 A. In the records that I reviewed that were available to me, I  
20 can't see any indication that Mr. Kapche had experienced issues  
21 with these problems. He was actually under extraordinarily good  
22 control.

23 Q. Okay. Then let's move on. Let's turn to the issue of  
24 whether Jeff Kapche's diabetes is a substantial limitation in  
25 the major life activities of eating and caring for oneself when

1 compared to average members of the population who don't have  
2 diabetes. Is that okay?

3 A. Okay.

4 Q. All right. And let me just ask you this: Can you tell the  
5 jury whether that's so, that he does have substantial  
6 limitations in the way he eats and cares for himself when  
7 compared to an average member of the population who doesn't have  
8 diabetes?

9 MR. GARDNER: Objection. Calls for a legal conclusion.

10 THE COURT: Well, it remains to be seen whether that's  
11 the right law, but he's entitled to ask the question in that  
12 form, and the answer will be captured in that little legal  
13 capsule. We'll see how it works out.

14 Go ahead.

15 A. So, I would answer that question in the following way: When  
16 compared to a person who does not have diabetes, Mr. Kapche is  
17 subject to a number of severe limitations in terms of his eating  
18 and the way he cares for himself.

19 In the first place, he doesn't have the prerogative to  
20 simply eat what he wants when he wants. Everything has to be  
21 calculated and planned because everything has consequences. He  
22 doesn't have the luxury of simply engaging in physical activity,  
23 doing exercise, or participating in what might be strenuous  
24 leisure time activity without considering what the consequences  
25 could be.

1           He can't just be sick, get the flu or get a cold.  
2           There are specific rules that now have to be applied in order to  
3           keep him from progressing into a more severe stage of physical  
4           illness.

5           BY MR. GRIFFIN:

6           Q. Let me stop you right there. You mentioned a couple of  
7           things, and I want to break those down. Let's first talk about  
8           exercise and strenuous activity.

9                        When Jeff want does do that, what does he have to do  
10          when he starts or before he starts?

11          A. Well, in the first place he has to know what his starting  
12          blood sugar is, okay. And then he has to assess, how much  
13          activity is he going to engage in? Is it going to be a walk, is  
14          it going to be a run, is he going to cycle, is he going to swim,  
15          is he going to dance, for how long and at what level?

16                        So he basically has to have some means of assessing  
17          what that impact is going to be on his blood sugar level,  
18          because now he has to decide, how much is he going to preload in  
19          terms of taking enough additional carbohydrates if that's  
20          necessary in order to keep from going low as a result of that  
21          exercise.

22                        And the risk of going low, that is, developing  
23          hypoglycemia, is not just immediate or during the exercise, but  
24          that risk is one that can exist for several hours afterwards.

25                        So a person like Mr. Kapche has to be aware and

1 vigilant about the effects of strenuous physical exercise before  
2 the event, during the event, and hours after the event. And he  
3 really has to be taught how to monitor himself to prevent  
4 adverse consequences.

5 Q. Does it take -- how much discipline does it take to maintain  
6 good blood sugars in order to do the kinds of things that he's  
7 done over the past 10 years of his life as a law enforcement  
8 officer?

9 MR. GARDNER: Objection. Lack of foundation. Calls  
10 for speculation.

11 THE COURT: Overruled. Overruled.

12 A. Well, I think I can comment on the question of what kind of  
13 discipline is required for a person to achieve the levels of  
14 control that I've seen displayed in the records that I've  
15 reviewed for Mr. Kapche, whether he's in law enforcement or any  
16 other thing that requires constant vigilance, decision making.

17 What I would tell you is, this is a person who has had  
18 to be extremely attentive to details. He's had to be constantly  
19 vigilant, he's had to be fastidious and very conscientious in  
20 the way he has made decisions and applied the things that he has  
21 been taught. Because you just don't get these kinds of outcomes  
22 casually.

23 And so this is a kind of person that I would say  
24 deserve our highest commendations. I mean, these are the kind  
25 of people that we as diabetes physicians really cherish, in the

1 sense that these are the people who demonstrate that it can be  
2 done, that if you use the tools that have been developed, apply  
3 them in a way that's vigilant and fastidious, make that  
4 commitment, do those sacrifices. Yes, it puts severe  
5 limitations on many of the things that you do. But if you do  
6 that, if you're willing to make those sacrifices, it is possible  
7 to have these outcomes.

8 Q. Is that part of that discipline; for example, before going  
9 out and exercising, that Jeff stick his finger with a lancet and  
10 let it register on a blood glucose meter so he'll know what his  
11 blood sugar is?

12 MR. GARDNER: Objection. Leading.

13 THE COURT: Yeah, you're just leading and leading.

14 MR. GRIFFIN: Let me ask a different question.

15 BY MR. GRIFFIN:

16 Q. In terms of the manner in which Jeff exercises compared to  
17 the manner in which people who don't have diabetes, how is he  
18 limited when compared to them in what he has to do before he  
19 exercises?

20 A. Well, what I tried to indicate before is that, for somebody  
21 like Mr. Kapche, it's not just a matter of, I think I'll go for  
22 a run. He really has to now do a lot more planning, he has to  
23 be a lot more thoughtful. There are many more rules that he has  
24 to be observant of. He has to know -- I don't have to know what  
25 my blood sugar is before I start an exercise program, but

1 Mr. Kapche does. He has to know whether or not he's going to do  
2 mild, moderate, or heavy exercise. Is he going to do it for  
3 20 minutes, 30 minutes, or an hour? All of those things become  
4 extremely important as part of the calculus of how he's going to  
5 now have to make decisions.

6 Start with monitoring the blood sugar, and then he has  
7 to not only do that but he's got to make decisions about what  
8 else is he going to eat, drink, when, and when he's going to  
9 monitor some more.

10 Q. And what about times when he doesn't know what he's going to  
11 be doing for the next couple of hours? How does he deal with  
12 that?

13 A. In situations like that, remember that Type I diabetes is  
14 not a condition from which you ever have a vacation. I mean, it  
15 doesn't take breaks in the sense that you can relax at some  
16 point and not be aware of the fact.

17 So when you are not -- when you don't know what you're  
18 going to do, then what is assumed is that your baseline  
19 treatment plan is in order and is able to keep you in a stable  
20 condition. It's when you make a decision to do something else  
21 that you have to make the adjustments.

22 Q. No worries. Even in unplanned activities, share with the  
23 jury whether patients can check their blood sugar on an ongoing  
24 basis to either take carbohydrates, Lifesavers, or insulin, as

25 necessary.

470

1 MR. GARDNER: Objection. Leading.

2 MR. GRIFFIN: I'll rephrase the question.

3 BY MR. GRIFFIN:

4 Q. What do patients do on an hourly basis or regular basis even  
5 when they're undergoing different kinds of activities during the  
6 day?

7 A. Well, if I go back to what I just mentioned, patients with  
8 diabetes are able to do any of a number of things. I mean, they  
9 can do whatever it is that they decide to do, as long as they  
10 are willing to accommodate those decisions by putting in place  
11 the kinds of actions that they have been taught and instructed  
12 to do with respect to checking their blood sugars, supplementing  
13 their carbohydrate or other nutrient intake.

14 Or in some instances they have to wait. For example,  
15 if you want to take a snack and it turns out that your blood  
16 sugar is already very high, you have to wait. You have to have  
17 the discipline to wait until your blood sugar has been brought  
18 down by, in some instances, a supplemental injection of insulin  
19 before you can take that snack. You can't simply decide to do  
20 it because you feel like doing it, and that's part of the  
21 limitations that we're talking about.

22 Q. So are there times when a person with diabetes can't eat  
23 when other members of the population can --

24 MR. GARDNER: Objection.



25 BY MR. GRIFFIN:

471

1 Q. -- under the circumstances you just described?

2 MR. GARDNER: Objection. Leading.

3 THE COURT: It is leading, and you've already got an  
4 answer to that question. So why don't you just move on.

5 MR. GRIFFIN: Let me just move on to the chart, if we  
6 might. And Garin, if you don't mind showing it to Dr. Gavin so  
7 that he can look at it. And if you can't see it and need to  
8 zoom in a little bit, let me know.

9 THE WITNESS: Can I move this screen just a little bit?

10 MR. GRIFFIN: I think you can. Right, judge?

11 THE COURT: Can he what?

12 MR. GRIFFIN: Can he move it closer to him, he asked.

13 THE COURT: Oh, sure. As close as you can, as you need  
14 to.

15 BY MR. GRIFFIN:

16 Q. Have you reviewed the chart that talks about the limitations  
17 of major life activities that's before you?

18 MR. GARDNER: Objection -- I'm sorry, objection.

19 Beyond the scope of Dr. Gavin's expert report.

20 THE COURT: I'm going to allow it. But I have one  
21 problem with it, counsel, and that is the title in the upper  
22 left-hand corner, which I'm going to take a moment to instruct  
23 the jury on the subject of what is a limitation on major life  
24 activities. Okay?

25

This doctor is going to -- has put together a chart of

472

1 things that he, in his view, I believe he's going to say, are  
2 limitations on major life activities. The question of what is a  
3 major life activity is a very serious, difficult question, and  
4 in part it's going to be one that you're going to be required to  
5 decide.

6 So putting this title on here that says, "Limitation of  
7 major life activities" does not necessarily mean that any of  
8 these things are major life activities. That's a subject we're  
9 going to discuss later on.

10 So ignore the title that is on this chart.

11 Proceed, Mr. Griffin.

12 MR. GRIFFIN: Thank you.

13 BY MR. GRIFFIN:

14 Q. Dr. Gavin, I would ask you, when we talk about this chart,  
15 to confine your answers to the major life activities of eating  
16 and caring for oneself, if that's all right.

17 A. That's fine.

18 Q. Now, Dr. Gavin --

19 MR. GRIFFIN: May we publish this to the jury, Your  
20 Honor?

21 MR. GARDNER: Your Honor, he hasn't testified to  
22 everything that's in this document.

23 THE COURT: Have you reviewed this chart, Doctor?

24 THE WITNESS: Yes.

25

THE COURT: And are you prepared to testify about it?

473

1

THE WITNESS: I am prepared, Your Honor.

2

THE COURT: And the annotations on this chart of "yes" and "not limited" and so forth are annotations that you approve?

4

THE WITNESS: I have reviewed and I approve of the annotations for these categories.

6

THE COURT: You may publish it to the jury.

7

MR. GRIFFIN: Thank you.

8

BY MR. GRIFFIN:

9

Q. Now, Dr. Gavin, without belaboring these points, are all of the facets that are listed on the left-hand corner in terms of eating and caring for oneself, are these all substantial limitations in the major life activities of caring for oneself and eating?

10

11

12

13

14

A. These are all --

15

THE COURT: Subject to what I told the jury about what is a major life activity.

16

17

MR. GRIFFIN: Subject to Your Honor's determining later what are major life activities, that's right.

18

19

THE COURT: Your Honor's or the jury's. I'm not sure who is going to decide that yet. This is a big, open question.

20

21

MR. GRIFFIN: All right.

22

THE COURT: If possible, leave that major life activity thing out of your question. It makes it easier.

23

24

MR. GRIFFIN: Okay.

1 Q. Then leaving it out of my question, are these limitations on  
2 the left-hand side -- describe the severity or the seriousness  
3 of those limitations.

4 A. Well, with respect to people with diabetes, issues related  
5 to their eating and their day-to-day caring for themselves,  
6 which includes things like physical activity, are major issues.  
7 I can just speak to that as a person who has spent all of his  
8 adult life in the area of diabetes.

9 Each of these really represents a major activity with  
10 respect to what a person like Mr. Kapche has to deal with,  
11 compared to, it says here, "average person in the general  
12 population." But we're really talking about people who do not  
13 have diabetes, or Type I diabetes.

14 It is absolutely essential that they exercise constant  
15 vigilance on their blood sugar. You know, people without  
16 diabetes don't have to worry about their blood sugar at all  
17 because they have a functioning pancreas that actually checks  
18 that on a minute-to-minute, second-to-second basis, and makes  
19 adjustments for whether you eat a Twinkie or whether you eat a  
20 mint or whether you take a bite out of somebody's sandwich. It  
21 is done for you. People with Type I diabetes, like Mr. Kapche,  
22 have to monitor that themselves because they don't have that  
23 internal possibility.

24 Side effects of insulin. If you don't take insulin,

25 you don't have to worry about its side effects. And its

475

1 principal side effects, the one that we worry most about, is  
2 going hypo, having low blood sugar.

3 Q. Slow down for a minute there. Does he have to be vigilant  
4 24 hours a day to avoid what you just said?

5 MR. GARDNER: Objection. Leading.

6 THE COURT: Sustained.

7 BY MR. GRIFFIN:

8 Q. What does Jeff Kapche have to do to avoid what you just  
9 talked about with the jury?

10 A. When I say constant vigilance, I said earlier that this is  
11 not a disease from which you get a vacation. There are no  
12 breaks. It doesn't take breaks. So the reason why we ask  
13 people with Type I diabetes to monitor themselves on a very  
14 regular basis, and people who are intensively controlled who are  
15 taking multiple insulin injections, and people who achieve the  
16 kind of results that I've seen with Mr. Kapche, are people who  
17 are going to be checking themselves very frequently. They're  
18 going to be checking themselves anywhere from five to sometimes  
19 10 times a day.

20 Yes, that kind of daily vigilance is required.

21 Q. Well, in order to save time, since this is a demonstrative  
22 aid, I'm going to ask you to consider the side effects from  
23 insulin, the multiple blood tests each day, the limits on  
24 quantities and quality of food, adjusting food for insulin

25 exercise, adjusting exercise for insulin diet, adjusting insulin

476

1 for exercise and diet, monthly/quarterly doctor visits, adjust  
2 math conversions insulin during illness and exercise, and  
3 carbohydrate counting and insulin calculation.

4 Do you see all of those?

5 A. Yes.

6 Q. Tell the jury whether or not those limitations with respect  
7 to Jeff Kapche are substantially limiting.

8 MR. GARDNER: Objection. Calls for a legal conclusion,  
9 Your Honor.

10 THE COURT: Counsel, I think the question is: Does  
11 Jeff Kapche have to do these things that other members of the  
12 population don't have to do? That takes it out of the legal  
13 question, puts it in a factual question, and permits this  
14 witness to answer with his full expertise.

15 MR. GRIFFIN: Do you mind asking that one more time?

16 THE COURT: I'll ask it myself.

17 Doctor, you see this list of things on the left-hand  
18 side of this?

19 THE WITNESS: Yes, sir, I do.

20 THE COURT: Are these things that Jeff Kapche has to do  
21 because he's a Type I diabetic that average people in the  
22 general population do not have to do?

23 THE WITNESS: He absolutely has to do those, Your  
24 Honor.

25

THE COURT: Thank you, sir.

477

1 BY MR. GRIFFIN:

2 Q. Now, with respect to people with diabetes, tell the jury  
3 whether all patients are as disciplined and have limited  
4 themselves in the way that he has?

5 MR. GARDNER: Objection. Lack of foundation. Calls  
6 for speculation, Your Honor.

7 MR. GRIFFIN: He's had a lifetime of experience  
8 treating patients, Your Honor. Let me rephrase. Would that  
9 help, Your Honor?

10 THE COURT: That would help me a lot, because I'm about  
11 to sustain the objection to that. So why don't you go ahead and  
12 rephrase the question.

13 MR. GRIFFIN: Good. I did good.

14 BY MR. GRIFFIN:

15 Q. In your last answer, were you considering Jeff Kapche as an  
16 individual in the way he takes care of his diabetes?

17 A. Yes.

18 Q. Now I want to show you, finishing up, Dr. Gavin, I want to  
19 show you Exhibit Number 7.

20 MR. GRIFFIN: If we could have that on the board. It  
21 has previously been admitted. Am I right? Plaintiff's Exhibit  
22 Number 7, which I believe has been previously admitted.

23 May I approach the witness?

24 THE COURT: Yes, sir.

1 Q. Dr. Gavin, have you reviewed Dr. Yoder's January the 11th  
2 letter rejecting Jeff Kapche as a special agent?

3 A. Yes, I have seen this and reviewed it.

4 Q. All right. I would like you to go down to the paragraph at  
5 the bottom. And I would like for you to share --

6 First of all, if you don't mind, read what Dr. Yoder  
7 has said about requirements for unstable and irregular working  
8 hours.

9 A. It says here, "Requirements for unstable and irregular  
10 working hours with prolonged or nontraditional shifts with  
11 unpredictable access to food, water, or medical assistance  
12 significantly interferes with the tight control needed to  
13 prevent disease progression."

14 "Attempting to maintain tight control when food  
15 portions and timing cannot be judged accurately increases the  
16 risks of hypoglycemic episodes. Such individuals are normally  
17 restricted from those situations which would place them or  
18 others at increased risk."

19 Q. I would like for you to share with the jury whether the  
20 statements made by Dr. Yoder about nontraditional shifts and  
21 unpredictable access to food, whether those statements are  
22 accurate.

23 A. Well, what I would say --



24 MR. GARDNER: Objection. Lack of foundation.

25 THE COURT: Are you talking about accurate or whether

479

1 he agrees with them?

2 BY MR. GRIFFIN:

3 Q. Do you agree with it? I would like to ask that question:

4 Do you agree with his statement, that they have to have  
5 predictable -- what does it say?

6 A. I read this statement, Mr. Griffin. And what I would say is  
7 that I don't agree with this because I think this reflects the  
8 kind of thinking that was in place before people with Type I  
9 diabetes had the kinds of tools and the kinds of instructions  
10 that they have now, such that they can make adjustments to  
11 different and difficult circumstances.

12 So the kinds of insulins that are available, the kinds  
13 of monitoring that's available actually presents opportunities  
14 to people with Type I diabetes to adjust to any of a number of  
15 very different and difficult circumstances.

16 I talked about those mountain climbers. I spent time  
17 with Will Cross, who climbed Mount Everest, and this is one of  
18 the most arduous tasks. He did it twice. Okay? And he has had  
19 Type I diabetes since he was nine years old.

20 So this kind of thinking, I think, is a bit outdated  
21 with respect to what people with Type I diabetes are capable of  
22 doing, and what I think Mr. Kapche has demonstrated his ability  
23 to do by virtue of the record that he's written.

24                   And so when it's concluded down here that "It's  
25 incompatible with safe and efficient job performance," I cannot

480

1           agree with that, based on what I know about what's possible and  
2           what I've seen in people that I've directly interacted with.

3           Q.   Now let me ask you this:  With the type of regimen that Jeff  
4           has with his Lantus, does he need to have regularly timed meals?

5           A.   No.  When you have basal insulin, and this is the real  
6           advantage that we have now with basal insulins, basal insulins  
7           actually provide a background of insulin that keeps you from  
8           slipping --

9           Q.   Sure.

10          A.   -- into ketoacidosis if you -- you know, at any time.  
11          Because your body always needs some insulin.

12                    But basal insulins are not really designed to cover  
13          meals.  That's why we have the rapid-acting insulins, or what we  
14          call the prandial ones, the ones that you take when you know  
15          you're going to eat something.  And that's the advantage we have  
16          now.  You don't have to take those insulins until you know that  
17          you're getting ready to have some food.

18          Q.   Now, in the letter, the memo that Dr. Yoder wrote, read to  
19          the jury what he wrote about how people with insulin-treated  
20          diabetes, how restricted they are.

21          A.   You're talking about in this next-to-last paragraph?

22          Q.   Yeah, "Restricted from" --

23          A.   "Such individuals are normally restricted from those

24 situations which would place them or others at increased risk."  
25 Is that the sentence?

481

1 Q. Yes. Now, is that conclusion warranted, based upon what  
2 Dr. Yoder says about regular meal times and that sort of thing?

3 MR. GARDNER: Objection.

4 BY MR. GRIFFIN:

5 Q. Do you agree with that?

6 MR. GRIFFIN: Objection, Your Honor. Cumulative.

7 THE COURT: I'll allow it. I'll allow the question,  
8 whether he agrees with it.

9 BY MR. GRIFFIN:

10 Q. And I think the jury can -- let's see. Yeah, the last  
11 sentence: "Such individuals are normally restricted from those  
12 situations which would place themselves or others at risk."

13 A. In reading this sentence, I'm interpreting this as "those  
14 situations," referring to the previous part of the paragraph,  
15 where there might be some unpredictability of access to food.  
16 And I think this is unwarranted as a concern in people who have  
17 been taught how to make appropriate adjustments for periods of  
18 less food access or more food access.

19 Q. And Dr. Gavin, were you able to see any evidence in the  
20 record whatsoever that Jeff Kapche has some sort of a disability  
21 in working?

22 MR. GARDNER: Objection, Your Honor. Calls for a legal  
23 conclusion.

24 THE COURT: Sustained.

25 BY MR. GRIFFIN:

482

1 Q. Dr. Gavin, did you see anything in the evidence that  
2 suggested that his diabetes would interfere in any way with his  
3 working?

4 A. No, I didn't see any evidence of that. In fact, with  
5 respect to the FBI's own conclusion, he was offered a job. And  
6 this is a guy who's been involved in law enforcement for more  
7 than a decade, so it would seem to me that he had demonstrated  
8 quite adequately that he was quite capable of doing this kind of  
9 work.

10 Q. Now, Dr. Gavin, let me ask you this: A person who is  
11 restricted from high-risk or increased-risk situations, how  
12 limited would they be in working law enforcement if they were  
13 restricted from high-risk situations?

14 MR. GARDNER: Objection. Lack of foundation. Calls  
15 for speculation.

16 THE COURT: I'm going to sustain the objection to that.  
17 I think the testimony was supposed to be whether he was  
18 medically qualified for the job and whether he was limited in  
19 eating and caring for himself. I think this is outside the  
20 boundaries of the --

21 MR. GRIFFIN: Your Honor, we have the burden of showing  
22 that he is regarded as having a disability or had an actual  
23 disability, and I want to finish up with the "regarded as" prong

24 of the statute.

25 THE COURT: The question isn't what he regards. The

483

1 "regarded as" thing has nothing to do with his view; it has to  
2 do with the FBI's view.

3 MR. GRIFFIN: Fair enough. Let me then conclude with a  
4 couple of questions.

5 BY MR. GRIFFIN:

6 Q. Among your years of experience in assisting patients with  
7 the kind of discipline that Mr. Kapche has, how have they done  
8 in their life's work?

9 MR. GARDNER: Objection. Relevance.

10 THE COURT: I'll allow it. But a short answer to a  
11 short question. I think he's wrapping up here.

12 MR. GRIFFIN: I am.

13 A. Yeah. With respect to people who have had the discipline to  
14 achieve the kinds of control that Mr. Kapche has achieved, they  
15 have been outstanding performers in the work that they've done.

16 BY MR. GRIFFIN:

17 Q. And Dr. Gavin, are you being paid anything by anyone to be  
18 here to lend your assistance to the jury?

19 A. No, I'm not being paid, and I did not expect to be paid,  
20 because this is really something that's an important issue for  
21 me. I come out of a background where I was always taught, if  
22 you -- you've got to be twice as good to get the opportunities,  
23 you've got to work twice as hard. And if you do make those

24 sacrifices and you put that kind of work in, you can get the  
25 type of opportunities to do what your heart's desire is.

484

1 And for me, for people like Jeff Kapche, this is  
2 precisely that kind of situation.

3 Q. Dr. Gavin, thank you very much.

4 THE COURT: Cross-examine?

5 CROSS-EXAMINATION

6 BY MR. GARDNER:

7 Q. Good morning, Dr. Gavin.

8 A. Good morning.

9 Q. It's nice to see you again.

10 Dr. Gavin, you don't do research on insulin pumps.  
11 Correct?

12 A. No, I do not do insulin pump research.

13 Q. You haven't done any research comparing, say, the relative  
14 efficacy of pump therapy versus multiple daily injection  
15 therapy. Correct?

16 MR. GRIFFIN: That's beyond the scope of his direct.

17 THE COURT: He's trying to find out the boundaries of  
18 his expertise. That's allowable. It's cross-examination.

19 A. Would you repeat that question, please?

20 BY MR. GARDNER:

21 Q. Of course. You haven't done any research comparing the  
22 relative efficacy of pump therapy versus multiple daily  
23 injection therapy?

24 A. No, that has not been research that I have been directly  
25 involved in.

485

1 Q. You don't consider yourself to be an expert on insulin  
2 pumps. Correct?

3 A. No, I would not call myself an expert in that area.

4 Q. And you've never treated any FBI special agents before. Is  
5 that right?

6 A. Not to my knowledge. If they were, they didn't confide that  
7 to me.

8 Q. They were incognito?

9 A. Yes.

10 Q. And you've never treated anyone in federal law enforcement.  
11 Correct?

12 A. Again, not that I am aware of.

13 Q. Now, Dr. Gavin, on direct examination you offered certain  
14 opinions about the burdens that Jeff Kapche faces as a diabetic.  
15 Correct?

16 A. Yes, I did.

17 Q. And I think there was a handy-dandy demonstrative.

18 Now, Dr. Gavin, if I were to change where it says,  
19 "Jeff Kapche," and I were to substitute the word "Type I  
20 diabetic," would any of the answers on this middle column  
21 change?

22 A. Well, let me just make sure I'm clear on what the intent  
23 would be. If the intent would be to ask whether or not,

24 compared to a person without Type I diabetes, would all of these  
25 factors that are listed over here still constitute serious or

486

1 severe limitations in terms of how they have to eat and care for  
2 themselves?

3 Q. Correct.

4 A. That would be true.

5 Q. Okay. So in other words, your opinion in this case,  
6 Dr. Gavin, about the burdens and limitations relate to those  
7 that insulin-dependent diabetics in general face. Correct?

8 A. Well, let me qualify this. It's one thing to talk about  
9 burdens. Burdens actually play out when people do them. Okay?  
10 Expectations are what we have in terms of what we hope people  
11 will do.

12 In Mr. Kapche's case, these are the kinds of things  
13 that, in order to achieve the results that he has achieved, that  
14 he has done, that he has engaged. And in that sense, it's a  
15 burden because those have been the realities of his life.

16 Quite frankly, if all Type I patients did like  
17 Mr. Kapche, I think everybody in diabetes would be one happy set  
18 of campers.

19 Q. Let's explore that a little bit. You've never met  
20 Jeff Kapche before. Correct?

21 A. No, I've only reviewed his records and had discussions about  
22 Mr. Kapche.

23 Q. You've never conducted a clinical exam of Jeff Kapche.



24 Correct?

25 A. That is correct. I've only reviewed the examinations that

487

1 are entered by the FBI's examining physician.

2 Q. Okay. You also reviewed, I think, some glucose logs that  
3 the plaintiff's attorney, Mr. Griffin, provided to you.

4 Correct?

5 A. Yes, I did review glucose logs. And I don't know whether  
6 those were provided by Mr. Griffin or part of the records that  
7 I...

8 Q. Someone --

9 A. Somebody provided them, yes.

10 Q. -- provided those to you?

11 And those glucose logs that you looked at, that was for  
12 a three-month period. Correct?

13 A. I can't tell you for exactly how long, but it was for a  
14 substantial period of time.

15 Q. In fact, it was actually for a three-month period of time.

16 Correct, Dr. Gavin?

17 A. I would have to look at the records, to tell you the truth.

18 Q. Let me see if I can refresh your recollection.

19 Dr. Gavin, do you recognize those as the glucose logs  
20 that the plaintiff provided to you?

21 A. I do recognize these glucose logs.

22 Q. And am I correct that these glucose logs are for a

23 three-month period between April 1st, 2006, and June 30th, 2006?

24 A. That is what these records reflect.

25 Q. And those were the glucose logs that you had at the time you

488

1 offered your opinions. Correct?

2 A. These certainly were among them. Whether there were more,  
3 again, I would have to have --

4 Q. Sitting here today, you have no recollection of other  
5 glucose logs that you were provided?

6 A. I couldn't -- I didn't really recall -- other than seeing a  
7 flow of numbers that reflected this kind of control, I can't  
8 tell you how many others I might have seen.

9 Q. Now, Dr. Gavin, when you talk about the control and  
10 discipline that Jeff Kapche has exhibited, what document, since  
11 you've never met Mr. Kapche or conducted a medical exam of  
12 Mr. Kapche, what are you relying on for that conclusion?

13 A. There are three elements that we would use to make that kind  
14 of judgment about a person with Type I diabetes like Mr. Kapche:  
15 One is the numbers that we just went through, what the flow of  
16 those numbers look like, which reflect the number of times that  
17 he was monitoring and faithfully capturing that information;  
18 two, whether or not there was, by history now - we're talking  
19 about over a longer period of time - whether there was an  
20 absence of recorded events that would reflect the absence of  
21 that kind of discipline. Namely, did he have episodes of  
22 diabetic ketoacidosis? Did he have episodes of known  
23 hypoglycemia? Were there times when his hemoglobin A1c levels,

24 which would capture now longer periods of time of average blood  
25 sugar control, were there times when those numbers were clearly

489

1 out of target?

2 All of those are elements that we would look at.

3 Q. If I understand you, the basis for your conclusion that  
4 Jeff Kapche is particularly disciplined, and therefore  
5 particularly burdened, is the three months of glucose logs, the  
6 absence of any records, and his Alc levels. Is that correct?

7 A. His Alc levels, the absence of any history of events that  
8 signify erratic or poor control. Those would be the bases, yes.

9 Q. So those three things.

10 Now, just so I understand this, when you say on  
11 direct -- and I want to make sure I'm not mischaracterizing  
12 this. Did you testify on direct that it's your opinion that  
13 Jeff Kapche particularly or specifically has the burden of  
14 dealing with all of the things identified in this plaintiff's  
15 demonstrative, which is number 11?

16 A. Let me see if I can repeat what I said, to make clear what I  
17 was trying to convey. You asked if you substituted  
18 Jeff Kapche's name for anybody with Type I diabetes.

19 Q. Correct.

20 A. I said, with the proviso that you're talking about whether  
21 or not these issues really represent a substantial or severe or  
22 burden set limitations. It becomes a burden when you do them.

23 It's an expectation that we would levy on anyone with Type I  
24 diabetes.

25 So the fact that he is a person who has given evidence

490

1 of having done them, then that would allow me to say that he has  
2 the burden of having these things.

3 Q. Let me see if I can tighten it up little bit. I apologize.

4 You say multiple blood tests each day. Do you know how  
5 many times Jeff Kapche tests his blood every day?

6 A. Do I know how many times a day?

7 Q. Correct.

8 A. I don't know how many times he does each day, but on the  
9 glucose logs he was doing at least four.

10 Q. Actually, sometimes three, according to those glucose logs.  
11 Correct?

12 A. Sometimes three.

13 Q. Okay. And in your experience, Dr. Gavin, it takes just  
14 about under a minute to test your blood glucose. Correct?

15 A. It may take a minute. Sometimes it may be more.

16 Q. Are you aware that Jeff Kapche, it takes him approximately a  
17 minute each time to test his blood glucose?

18 A. I was not specifically aware of that, but I would presume  
19 that if he's using the usual approaches, that that would be what  
20 he would encounter.

21 Q. So the burden to Jeff Kapche, if we're right that three to  
22 five times a day is somehow indicative, that burden then is

23 three to five minutes a day for that particular subset. Right?  
24 A. If you think about this, Mr. Gardner, as a burden only in  
25 the sense that, oh, it's just an activity that takes a minute.

491

1 But looking at this through the eyes of a person who has worked  
2 with and interacted with people with diabetes, it's not just a  
3 test. It really is an assessment of where you stand with  
4 respect to your basic, you know, metabolic condition right  
5 there.

6 Because it's not just the generation of a number, it's  
7 a number upon which you may need to take some action. So...

8 Q. And that assessment, that testing and then the assessment,  
9 that's something that all Type I diabetics are burdened with.  
10 Correct?

11 A. That's something that all Type I diabetics are expected to  
12 do. Not all of them do it.

13 Q. Sure. Of course not. But for those that comply, get in  
14 line, that's the burden that all Type I diabetics face.  
15 Correct?

16 A. That is correct.

17 Q. Now, do you know whether Jeff Kapche actually goes monthly  
18 or quarterly to the doctor?

19 A. I don't know what the frequency of his visits...

20 Q. So with respect to the burdens for Jeff Kapche personally,  
21 this would be an example of one where you're just talking about  
22 the general burden of a compliant Type I diabetic. Correct?

23 A. I'm talking about a person, that is correct, who has had  
24 regular assessments by their physician which include the  
25 determination of, for example, their A1c, which would be done in

492

1 the context of a physician visit.

2 Q. Sure. Now, the carbohydrate counting and insulin  
3 calculation, is it fair to say that if you generally eat the  
4 same foods routinely, the carb counting is much more easy or  
5 routine than if you're constantly eating new foods?

6 A. That is correct. If you have a routine, yes.

7 Q. Do you know if Jeff Kapche has a routine?

8 A. I don't know whether he has a routine.

9 Q. Now, you would agree, Dr. Gavin, that if a diabetic is  
10 vigilant about counting their carbohydrates and taking their  
11 insulin, that individual has some flexibility as to what they  
12 can eat?

13 A. Yes, that's a correct statement.

14 Q. I mean, assuming one has an unlimited amount of insulin and  
15 is testing their blood glucose and has properly counted their  
16 carbohydrates, there's nothing that is per se off limits to a  
17 diabetic. Correct?

18 A. Nothing that would be off limits, as long as they're willing  
19 to do the appropriate calculation, make the appropriate  
20 adjustment, and know what those numbers really mean.

21 Q. Sure. And of course, that process is easier if you're  
22 eating the same types of foods day in and day out. Right?

23 A. That would be.

24 Q. And, for example, I mean, foods that are good for everyone  
25 are also good for diabetics. Right?

493

1 A. That's what we teach, yes.

2 Q. And like the general population, Type I diabetics should eat  
3 a well-balanced, low-fat diet, in the optimal world. Right?

4 A. Well balanced, limited in fat. But in people with Type I  
5 diabetes, there are other considerations about their diet. It  
6 depends on what their level of activity is overall, what their  
7 stage of life is, even.

8 Q. Now, you offered an opinion, Dr. Gavin, that Mr. Kapche is  
9 qualified to perform the duties of an FBI special agent.  
10 Correct?

11 A. I'm not sure that was a question that I was directly asked.

12 Q. Well, you said that he was qualified for the position.  
13 Correct?

14 A. Well, I simply agreed that he was qualified based on the  
15 record that I saw, the FBI's examining physician. I didn't  
16 examine Mr. Kapche. The FBI's examining physician came to that  
17 conclusion.

18 Q. So is your opinion in this case just, I agree with  
19 Dr. Burpeau?

20 A. I agree based on the record that Dr. Burpeau generated, and  
21 on the basis of the other materials that accompanied  
22 Mr. Kapche's files.

23 Q. I see. Now, you mentioned that, with respect to your  
24 patients, the importance of knowing the day-to-day job  
25 requirements that your patients have to face, because that's

494

1 going to implicate their treatment management. Correct?

2 A. It would implicate the kinds of instructions that those  
3 people get about the adjustments that they need to be sensitive  
4 to.

5 Q. And so that you can properly provide counsel or advice to  
6 your patients, you talk to the patients to understand what those  
7 day-to-day activities might be. Right?

8 A. That is correct. When you're trying to set up a treatment  
9 plan, or when you're trying to determine whether or not the  
10 person knows enough to make the kinds of adjustments that might  
11 be required because of their job.

12 Q. And you would agree with me, Dr. Gavin, that without knowing  
13 the particulars of a given job, you couldn't say whether, say,  
14 the use of an insulin pump makes more sense than injections for  
15 a particular job.

16 MR. GRIFFIN: Objection. Beyond the scope, Your Honor.  
17 The objection is, he has not been offered to offer opinions on  
18 the pump versus injections, long-term outcomes, and that is what  
19 that question is directed to.

20 THE COURT: That's right.

21 MR. GARDNER: Your Honor, may we approach the bench?



22 THE COURT: No. I think that's right. Sustained.

23 BY MR. GARDNER:

24 Q. You agree that knowledge of the essential functions of an  
25 FBI special agent would be important to you in giving you an

495

1 understanding of what the demands would be on a person like  
2 Jeff Kapche with respect to his particular insulin therapy?

3 A. Knowledge of the job requirement for a person like  
4 Mr. Kapche would be important for our discussion about the kinds  
5 of adjustments that he would need to be prepared to make.

6 Q. Yeah. And let me be really clear about this. When you say  
7 that you agree that Jeff Kapche was qualified, you are saying  
8 that he is qualified, as a diabetic who uses injection therapy,  
9 to perform a job as an FBI special agent. Correct?

10 A. I am agreeing with, one, the assessment that was made, which  
11 I have no basis for disagreement with, that this was a man who  
12 had been examined, his records had been examined, he had been  
13 examined, and by somebody who was doing this on a fairly regular  
14 basis, I assume, he was found to be qualified.

15 I had no basis upon which to disagree with that  
16 assessment. And here was a man who had been involved in the  
17 direct conduct of law enforcement activity for over a decade  
18 already. So...

19 Q. Let me see -- and if my question wasn't clear, let me try it  
20 again.

21 It's your opinion, as I understand it, that Jeff Kapche

22 is qualified to perform the functions of an FBI special agent on  
23 his insulin injection therapy. Correct?

24 A. It is my opinion that, on the treatment program that  
25 Mr. Kapche is on, given the results that Mr. Kapche has

496

1 achieved, that he is -- I agree with the assessment that he is  
2 qualified that was made by the physicians who had examined him.

3 Q. And by qualified, you mean medically qualified?

4 A. Medically qualified.

5 Q. Okay. Perfect. Perfect.

6 Now, you say you had no basis to disagree with  
7 Dr. Burpeau. Correct?

8 A. About his medical qualification.

9 Q. Do you know what Dr. Burpeau's background is?

10 A. He is -- from the record is what I know, and from his  
11 deposition, he's a board-certified internist.

12 Q. He's not an occupational medicine physician. Correct?

13 A. There was no indication of that.

14 Q. And you don't have any personal understanding, Dr. Gavin, as  
15 to Dr. Burpeau's understanding of the essential functions of an  
16 FBI special agent. Correct?

17 A. Well, I can only answer that, Mr. Gardner, in the following  
18 way: As I read the record that was generated by Dr. Burpeau, he  
19 was, as I understand it, contracted by the FBI to examine people  
20 for the express purpose of determining whether or not they were  
21 qualified for duty as an FBI agent, whether it's a special agent

22 or some kind of other agent.

23 So the presumption that I think was not unreasonable on  
24 my part was that, given that expectation of him, given the fact  
25 that he had been doing that for some time, that he would be in a

497

1 position to know what the qualifications were to declare  
2 somebody medically qualified for that role.

3 Q. Is it your understanding, Dr. Gavin, that Dr. Burpeau's  
4 determination is a preliminary determination that ultimately has  
5 to be reviewed at FBI headquarters?

6 A. Logistically, I can't tell you that I knew anything about  
7 what the logistical --

8 Q. So you don't know whether Dr. Burpeau's conclusion is a  
9 tentative conclusion, a final conclusion, or any other type of  
10 conclusion?

11 A. I only knew that Dr. Burpeau's conclusion was a medical  
12 conclusion about qualification.

13 Q. And to be clear, Dr. Gavin, you don't have an understanding  
14 of what the essential functions of an FBI special agent are.  
15 Correct?

16 A. That is correct.

17 Q. You've never studied the specific job functions that an FBI  
18 special agent is required to perform?

19 A. No, I have not.

20 Q. Okay. And you haven't really thought, Dr. Gavin, one way or  
21 the other about the different job functions that would weigh in

22 favor of one therapy, meaning pumps or injections, over the  
23 other therapy?

24 MR. GRIFFIN: Objection, Your Honor. That's again  
25 comparing different kind of therapies.

498

1 THE COURT: Same ruling.

2 BY MR. GARDNER:

3 Q. And you agree, Dr. Gavin, that in order to quantify the  
4 magnitude of the risks associated with a particular job, you  
5 need to know the functions of that particular job?

6 MR. GRIFFIN: Your Honor, he's not been offered on  
7 direct threat either. We object.

8 MR. GARDNER: Your Honor, can we side-bar?

9 THE COURT: No. Sustain the objection. I think you've  
10 gotten that answer two or three different ways, counsel.  
11 Sustained. Move on.

12 BY MR. GARDNER:

13 Q. Now, you agree, Dr. Gavin, it's more challenging for a  
14 Type I diabetic to manage his or her diabetes when that  
15 individual has demanding and unpredictable job responsibilities?

16 A. It is more demanding for a person with Type I diabetes when  
17 there is unpredictability with respect to eating and physical  
18 activity, which is why they really have to understand the  
19 principles of diabetes management, the balance between insulin  
20 intake, food intake, and physical activity.

21 Q. And because of that, it may in fact require changing the

22 amount of foods that an individual eats. Correct?

23 A. People with Type I diabetes are in fact instructed  
24 concerning those kinds of adjustments.

25 Q. Or changing the amount of insulin that individual takes?

499

1 A. Same principle.

2 Q. Or even changing the frequency or the timing of the  
3 monitoring that a diabetic does. Correct?

4 A. That's exactly the kind of thing that they are taught to do,  
5 and that, if they're vigilant, they will follow.

6 Q. Now, by the way, I neglected to ask you this, Dr. Gavin. Do  
7 you know how many shots, injections, Mr. Kapche takes a day?

8 A. I am not familiar with what his routine is. I know he is on  
9 basal bolus, and by that I would expect that he's taking at  
10 least one shot of basal insulin, and that his bolus insulins,  
11 that is, the ones that are used to cover his meals, would really  
12 depend on how many times he's eating and making the decision  
13 that he needs to take the --

14 Q. But the bottom line is, you don't have any sort of general  
15 sense. Correct?

16 A. No.

17 Q. Now, you mentioned in your direct testimony these  
18 long-acting insulins, and I think you mentioned Lantus insulin?

19 A. I didn't mention Lantus, I mentioned basal insulin.

20 Q. Basal insulin, thank you. And can you describe for me the  
21 types or the brand names of these basal insulins?

22 A. Yes. There are two insulins that are considered classical,  
23 if you will, basal insulins. And by that we're talking about an  
24 insulin that you can give one time and it doesn't have a peak,  
25 okay, it has basically what we call a square wave: It gets up

500

1 to a certain level, and that level is maintained for a period of  
2 up to 24 hours, and that's in order to do what your own pancreas  
3 does for you.

4 Your own pancreas is always secreting insulin, even  
5 when you're not eating. That's called basal or background  
6 insulin. And that's what the basal insulins do, and those are  
7 Lantus insulin, or insulin glargine, is the generic name; Or  
8 Levemir insulin, which is detemir, which is the generic name.

9 Now, those are the two that have that square wave kind  
10 of issue. People have used -- clinicians have used MPH insulin  
11 as a basal insulin, but that was before these others were  
12 available. MPH was never designed to be a basal insulin. It  
13 has a peak. It is long-acting, but it has a peak, and that peak  
14 can occur any time, which was one of the problems with it.

15 So we've really made significant advances in protecting  
16 people from the unpredictability of MPH as a basal insulin when  
17 we were able to get to Lantus and detemir.

18 Q. Thank you, that was very helpful. I want to follow up on  
19 with that. You said the unpredictabilities of MPH. What do you  
20 mean, the unpredictability of MPH?

21 A. There is a measurement that you can make regarding insulin's

22 action in an individual, and it is the variability of when that  
23 insulin is going to have its peak glucose-lowering effects.  
24 Okay? And that peak occurs with MPH -- when given to the same  
25 patient on different days at the same dose, that peak can occur

501

1 anywhere from two hours to eight hours to 12 hours. That's why  
2 MPH was always such a problem as a basal insulin. You don't see  
3 that kind of variability with Lantus or with Levemir.

4 Q. Now, in the 2004 time frame, Dr. Gavin, most of the studies  
5 that analyzed injection therapy versus pump therapy compared it  
6 with MPH insulin. Correct?

7 A. Many of them did. We were starting to see comparisons made  
8 at that time with Lantus. Levemir wasn't around, but Lantus had  
9 been studied for -- by 2004, Lantus had been studied for some  
10 years.

11 Q. And to be clear, Lantus entered the American market in 2002.  
12 Correct?

13 A. It entered the American marketplace in 2002. Studies on it  
14 had appeared certainly well before that.

15 Q. And the detemir insulin, the other type of long-acting  
16 insulin you referred to, that made its way into the American  
17 marketplace in 2006. Correct?

18 A. That is correct.

19 Q. And as I understand it, Dr. Gavin, the studies in the 2004  
20 time frame that were comparing this MPH injectable insulin to  
21 pumps concluded that the pump was a superior method. Correct?

22 MR. GRIFFIN: Same objection, Your Honor.

23 THE COURT: That's three times, counsel.

24 MR. GARDNER: All right, Your Honor.

25 No further questions.

502

1 THE COURT: All right. Any redirect?

2 MR. GRIFFIN: No redirect, Your Honor.

3 THE COURT: Dr. Gavin, thank you, sir. That completes  
4 your testimony. You're excused.

5 THE WITNESS: Thank you.